

1 THE COURT: Redirect?

2 MS. PETRONE: Nothing.

3 THE COURT: You can step down, sir, thank you.

4 Please raise your right hand to be sworn in.

5 (Witness sworn)

6 SANDRA LAMBATOS,
7 called herein as a witness on behalf of the People of
8 the State of Illinois, having been first duly sworn, was
9 examined and testified as follows:

10 DIRECT EXAMINATION

11 BY

12 MS. PETRONE:

13 Q Can you please state your name and spell your
14 last name?

15 A Sandra L-a-m-b-a-t-o-s.

16 Q What is your current occupation?

17 A I am a stay-at-home mom.

18 Q How long have you been a stay-at-home mom?

19 A About a year.

20 Q What did you do previously?

21 A I worked at Independent Forensics of Illinois
22 as a paternity DNA analyst.

23 Q What were your duties in that capacity?

24 A To examine swabs for DNA profiles and to do

JJJ56

1 paternity tests.

2 Q How long did you do that work?

3 A About a year and a half.

4 Q Where did you work before that?

5 A At the Illinois state police crime
6 laboratory.

7 Q Is that the laboratory located in Chicago in
8 1941 West Roosevelt?

9 A Yes, it is.

10 Q How long did you work at the Illinois State
11 police crime lab in Chicago?

12 A About eight and a half years.

13 Q What was your position there?

14 A I was a forensic scientist 3.

15 Q Were you also an acting supervisor in the
16 biology section?

17 A Yes, I was.

18 Q What were your duties as a forensic scientist
19 3?

20 A My duties included examining evidence for the
21 presence of bodily fluids such as blood, semen, and
22 saliva and then I would conduct a DNA comparison on this
23 evidence as requested to do so.

24 Q What were your duties as an acting supervisor

1 in the biology section?

2 A To supervise the daily activities of the
3 biologist in that section and to perform supervisory
4 review on the lab work.

5 Q Can you tell us about your educational
6 background?

7 A I have a bachelor's science degree from
8 University of Illinois at Urbana Champaign.

9 Q What was your major?

10 A Biology.

11 Q Do you have any specialized training in your
12 field?

13 A Yes, I do from the Illinois state police
14 crime laboratory I received about three years of
15 training in the forensic biology and DNA field.

16 Q Can you describe the training you received in
17 the area of forensic biology?

18 A Yes. That training was about a year and a
19 half -- about a year and that included learning about
20 the identification of blood, semen, and saliva. We had
21 written, oral, and practical examinations as well as
22 supervised case work.

23 Q Can you tell us about the specialized
24 training you received in the area of DNA?

1 A Yes. That training lasted about two years
2 and that included learning about DNA in the form of
3 lectures, written materials, supervised case work,
4 written, oral, and practical laboratory exams.

5 Q Did you successfully complete these three
6 years of training?

7 A Yes, I did.

8 Q Have you attended any seminars from other
9 laboratories in the area of DNA analysis?

10 A Yes, I did. Seminars was presented by Bodi
11 technology and the Midwestern Association of Forensic
12 scientists.

13 Q Did you -- have you conducted lectures of
14 presentations in DNA technology?

15 A Yes, I did. I gave lectures at Kent Law
16 school at the Museum of Science and Industry, at the
17 Chicago police department new detective training, and
18 also for the Cook County State's attorney's office.

19 Q Was your work at the Illinois state police
20 crime lab subject to peer or supervisory review?

21 A Yes, it was.

22 Q Would that include your work in this case?

23 A Yes, it did.

24 Q Were you given proficiency tests in your

1 field at the Illinois state police crime lab?

2 A Yes.

3 Q Can you tell us about that?

4 A There were three proficiency tests in DNA;
5 two being external every 180 days and one being
6 internal.

7 Q Did you pass these tests?

8 A Yes, I did.

9 Q Did you belong to any professional
10 organization?

11 A I did. I belonged to the Midwestern
12 Association of Forensic Scientists.

13 Q Can you tell us the approximate number of
14 samples you analyzed for the presence of bodily fluids?

15 A Thousands.

16 Q Can you tell us the approximate number of
17 samples you performed DNA analysis on?

18 A Thousands.

19 Q Have you previously testified in a court of
20 law as an expert in forensic DNA analysis?

21 A Yes.

22 MS. PETRONE: At this time I would tender the
23 witness as an expert of both forensic biology and
24 forensic DNA analysis.

1 MR. WALSH: No questions.

2 THE COURT: Witness is an expert in forensic
3 biology and forensic DNA analysis.

4 MS. PETRONE: Q What do the letters PCR stand for?

5 A They stand for preliminary chain reaction.

6 Q Is that spelled P-O-L-Y-M-E-R-A-S-E?

7 A Yes, it is.

8 Q Is this the type of DNA testing that you did
9 at the Illinois state police crime lab?

10 A Yes, it is.

11 Q Is this type of testing generally accepted in
12 the scientific community?

13 A Yes, it is.

14 Q Is this one of the most modern types of DNA
15 testing available?

16 A Yes, it is.

17 Q Can you briefly explain how PCR DNA testing
18 is done?

19 A Briefly PCR takes the evidence samples which
20 typically comes in low amounts, and we need to multiply
21 it, make greater amounts so we can do our scientific
22 examination on that and through a series of different
23 cycles and temperature changes, we are able to make
24 millions and millions of areas of interest on the DNA

1 molecule.

2 Q After the DNA is amplified, what is done?

3 A After the DNA is amplified, then the specific
4 areas of interest are tagged with florescent markers and
5 examined through a genetic analyzer, and a DNA profile
6 is generated.

7 Q In this manner can male DNA profile be
8 identified from semen?

9 A Yes, it can.

10 Q Can this profile be compared to DNA from a
11 suspect's blood to determine if it is a match with or
12 consistent with having originated from that suspect?

13 A Yes, it can.

14 Q Can this testing be used to exclude as well
15 as include a person as being a contributor to a sample?

16 A Yes.

17 Q Is the statistical probability of a match
18 determined?

19 A Yes.

20 Q Is calculating statistical probability of a
21 match part of your DNA training?

22 A Yes, it is.

23 Q Is the method used by the Illinois State
24 police crime lab of determining the statistical

1 probability of a match generally accepted in the
2 scientific community?

3 A Yes, it is.

4 Q Can you briefly explain how this is done?

5 A The alleles are looked at and put into a
6 frequency data base to determine how common they are in
7 the general population.

8 Q Directing your attention to the years when
9 you were employed by the state crime lab 2000 and 2001,
10 was it the practice of the Illinois state police crime
11 lab in Chicago to send evidence samples from cases being
12 worked on to Celmark diagnostic laboratory in
13 Germantown, Maryland?

14 A Yes.

15 Q Was Celmark an accredited crime lab?

16 A Yes.

17 MR. WALSH: Objection.

18 THE COURT: Overruled.

19 MS. PETRONE: Q Why was this done?

20 A To expedite and reduce our backlog.

21 Q How was the evidence sent?

22 A It was sent in a sealed condition via Federal
23 Express.

24 Q Was shipping manifests or records kept as all

1 evidence sent by the Illinois state police crime lab to
2 Celmark diagnostic laboratory?

3 A Yes.

4 Q Were these records kept in the ordinary
5 course of business at the Illinois state police crime
6 lab?

7 A Yes, they were.

8 Q Were these records kept in a secured area of
9 the lab?

10 A Yes, they were.

11 Q Who has access to these records?

12 A Laboratory personnel.

13 Q Were these records ordinarily relied on by
14 analysts in performing their work?

15 A Yes, they were.

16 Q Were these records used to maintain a record
17 of the chain of custody of evidence?

18 A Yes.

19 Q How would the evidence be returned to the
20 Illinois state police crime lab from Celmark diagnostic
21 laboratory?

22 A In a sealed condition via Federal Express.

23 Q Is this manner of transporting evidence for
24 DNA analysis generally accepted in the scientific

1 community?

2 A Yes, it is.

3 Q Was it then and is it now a commonly accepted
4 practice in the scientific community for one DNA expert
5 to rely on the records of another DNA expert in order to
6 complete his or her work?

7 A Yes.

8 MR. WALSH: Objection to the form of question.

9 THE COURT: Overruled, she answered.

10 MS. PETRONE: Q Directing your attention
11 specifically to RD number F083574 Illinois state police
12 crime lab number C00007770 involving the victim named
13 Latonya Jackson. On the date of November 28th of 2000,
14 was evidence from this case sent to Celmark diagnostic
15 laboratory from the Illinois state police crime lab in
16 the manner in which you described?

17 MR. WALSH: Objection, hearsay.

18 THE COURT: Overruled.

19 MS. PETRONE: Q What was the evidence that was
20 sent?

21 A Vaginal swab and a blood standard from
22 Latonya Jackson.

23 Q Was this transportation of evidence
24 documented in shipping manifest records of the Illinois

1 state police crime lab?

2 A Yes, it was.

3 MS. PETRONE: May I approach?

4 THE COURT: Yes.

5 MS. PETRONE: Q Showing you People's Exhibit
6 Number 25 for identification and ask if you recognize
7 that?

8 A I do.

9 Q What is that?

10 A It's a shipping manifest.

11 Q Is that a manifest that's kept in the
12 ordinary course of business by the Illinois State police
13 crime lab?

14 A Yes, it is.

15 Q And does this manifest document evidence on
16 several cases, this case and cases that have nothing to
17 do with this that were sent on the same date from the
18 Illinois state police to Celmark diagnostic laboratory?

19 A Yes.

20 Q Referring to this case number C00007770, does
21 it document when this evidence was sent and the method
22 that was used to send this from the State police lab in
23 Chicago to Celmark diagnostic lab in Maryland?

24 A Yes, it does.

1 Q What was the date and what was the manner
2 noted in this manifest?

3 A The date was November 28th of 2000 and the
4 manner noted was via Federal Express.

5 Q And is there also a specific Federal Express
6 number noted on the document?

7 A Yes, there is.

8 Q And does this document also note the date
9 received by Celmark diagnostic laboratory?

10 A Yes, it does.

11 Q And what is that date?

12 A November 29, 2000.

13 Q Showing you what's been marked as People's
14 Exhibit Number 26 for identification. Do you recognize
15 that?

16 A I do.

17 Q What is that?

18 A The return shipping manifest.

19 Q Is that a return shipping manifest for other
20 cases that have nothing to do with this one plus this
21 case number C00007770?

22 A Yes, it is.

23 Q And does this indicate the date that the
24 evidence in that case number was sent back from Celmark

1 diagnostic laboratory in Maryland to the Illinois state
2 police crime lab in Chicago, Illinois?

3 A It does.

4 Q What is the date that this manifest notes?

5 A April 3rd of 2001.

6 Q And does it show the manner of shipment?

7 A Manner of shipment was by Federal Express and
8 there's a shipping number.

9 Q Is this manifest also People's Exhibit Number
10 26 kept in the ordinary course of the business at the
11 Illinois state police crime lab?

12 A Yes, it is.

13 Q Were these pieces of evidence People's Number
14 25 and 26 relied on by you when you did work on this
15 case?

16 A Yes, it was.

17 Q And these also keep track of the chain of
18 custody; is that correct?

19 A Correct.

20 Q Do they also note what evidence was sent?

21 A Yes, they do.

22 Q What evidence was sent?

23 A The vaginal swabs and the blood standards.

24 Q Were you assigned to work on this case at the

1 Illinois State police crime lab?

2 A Yes, I was.

3 Q Was there a computer match generated of the
4 male DNA profile found in semen from the vaginal swabs
5 of Latonya Jackson to a male DNA profile that had been
6 identified as having originated from Sandy Williams.

7 MR. WALSH: Objection, lack of foundation, Judge.
8 There's no evidence with regard to any testing that's
9 been done to generate a DNA profile by another lab to be
10 testified to by this witness.

11 THE COURT: As to who?

12 MR. WALSH: With regard to the swabs that she says
13 that testimony that were sent to another lab in
14 Maryland.

15 THE COURT: Right.

16 MS. PETRONE: I'm not getting at what another lab
17 did. I was referring to a computer data base without
18 saying any more about that but after she received that
19 information for the data base she did her own testing
20 based on that information.

21 THE COURT: Overruled.

22 MR. WALSH: It's still relying on testing that's
23 done by another lab.

24 THE COURT: We will see. If she says she didn't do

1 her own testing and she relied on a test of another lab
2 and she's testifying to that, we will see what she's
3 going to say. I don't know. Go ahead.

4 MS. PETRONE: Q Was there a computer match
5 generated of the male DNA profile found in semen from
6 the vaginal swabs of Latonya Jackson to a male DNA
7 profile that had been identified as having originated
8 from Sandy Williams?

9 A Yes, there was.

10 Q Did you compare the semen that had been
11 identified by Brian Hapack from the vaginal swabs of
12 Latonya Jackson to the male DNA profile that had been
13 identified by Karen Kooi from the blood of Sandy
14 Williams?

15 A Yes, I did.

16 MR. WALSH: Objection to the form of the question.

17 THE COURT: Overruled.

18 THE WITNESS: Yes, I did.

19 MS. PETRONE: Q Did you use the method of DNA
20 testing which you described earlier?

21 A Yes.

22 Q What was your conclusion?

23 A I concluded that Sandy Williams cannot be
24 excluded as a possible source of the semen identified in

1 the vaginal swabs.

2 Q In other words is the semen identified in the
3 vaginal swabs of Latonya Jackson consistent with having
4 originated from Sandy Williams?

5 A Yes.

6 Q What is the probability of this profile
7 occurring in the general population?

8 A Can I refer to my report?

9 THE COURT: Yes.

10 THE WITNESS: This profile would be expected to
11 occur in approximately 1 in 8.7 quadrillion black, 1 in
12 390 quadrillion white, or 1 in 109 quadrillion Hispanic
13 unrelated individuals.

14 Q Do you know the approximate population of the
15 world?

16 A Approximately 6 billion.

17 MR. WALSH: Objection.

18 THE COURT: Overruled.

19 MS. PETRONE: Q In your expert opinion, can you
20 call this a match to Sandy Williams?

21 A Yes.

22 MR. WALSH: Objection.

23 THE COURT: Overruled.

24 MS. PETRONE: No further questions.

